

1 SUPREME COURT

SUPERIOR COURT

2 NO. S124131

NO. CF-5733

3 IN THE SUPREME COURT OF THE STATE OF CALIFORNIA

4 -oOo-

5

THE PEOPLE OF THE STATE OF)
6 CALIFORNIA,) TRIAL
) VOLUME 52

7 PLAINTIFF AND)
RESPONDENT,)

8 vs.) TRIAL PROCEEDINGS
) GUILT PHASE

9 JOSEPH ANTHONY BARRETT,)
) PAGES 6587 - 6775

10 DEFENDANT AND)
APPELLANT.)

11 _____)

12 -oOo-

13 FROM THE SUPERIOR COURT OF IMPERIAL COUNTY

14 HONORABLE JOSEPH W. ZIMMERMAN, JUDGE

15 -oOo-

16 REPORTERS' TRANSCRIPT ON APPEAL

17 DECEMBER 8, 2003

18 A P P E A R A N C E S:

19 FOR THE PLAINTIFF BILL LOCKYER
AND RESPONDENT: ATTORNEY GENERAL

20 1300 'T STREET
SACRAMENTO CALIFORNIA

21

FOR THE DEFENDANT IN PROPRIA PERSONA

22 AND APPELLANT:

23

SHIELAH D. MORGAN, CSR 3763

24

LINDA PARKS, CSR 9625

OFFICIAL COURT REPORTERS

25

IMPERIAL COUNTY SUPERIOR COURT

6587

1 IN THE SUPERIOR COURT, COUNTY OF IMPERIAL

2 STATE OF CALIFORNIA

3 CRIMINAL DIVISION, DEPARTMENT 1

4 JUDGE JOSEPH W. ZIMMERMAN, PRESIDING

5 -oOo-

6

7

8 _____)
 THE PEOPLE OF THE STATE OF) NO. CF-5733
 9 CALIFORNIA,)
) TRIAL PROCEEDINGS
 10 PLAINTIFF,) DAY 41

11 VS.)

12 JOSEPH A. BARRETT,)
)
 13 DEFENDANT.)

14 _____)

15

REPORTER'S TRANSCRIPT

16

17 MONDAY, DECEMBER 8, 2003

18

19 -oOo-

20

21

22

REPORTED BY:

REPORTED BY:

23

SHIELAH D. MORGAN, CSR 3763

LINDA PARKS, CSR

24 9625

OFFICIAL REPORTER

OFFICIAL REPORTER

25 939 WEST MAIN STREET

939 WEST MAIN

STREET

6587

1 EL CENTRO, CA 92243 EL CENTRO, CA
92243
2 (760) 482-4369 (760) 482-4748

3

A P P E A R A N C E S

4

5

6

FOR THE PEOPLE:

7

GILBERT G. OTERO
DISTRICT ATTORNEY
BY: WAYNE ROBINSON
939 WEST MAIN STREET
EL CENTRO, CA 92243

10

11 FOR THE DEFENDANT:

12 ED SADA
ATTORNEY AT LAW
13 836 STATE STREET
EL CENTRO, CA 92243

14

- AND -

15

ERIC BEAUDIKOFER
ATTORNEY AT LAW
414 VINE STREET
EL CENTRO, CA 92243

18

19

20

* * *

21

22

23

24

25

6588

1 I N D E X

2 MONDAY, DECEMBER 8, 2003 -- DAY 41

3 MORNING SESSION ----- PAGE 6591
4 AFTERNOON SESSION ----- PAGE 6678

5 * * *

6 PEOPLE'S WITNESSES: PAGE

7 CHRISTOPHER SWALWELL:

8 Direct Examination (Resumed) by Mr. Robinson - 6595
9 Cross-Examination (Resumed) by Mr. Beaudikofer 6596
 Redirect Examination by Mr. Robinson ----- 6684
 Recross-Examination by Mr. Beaudikofer ----- 6689

10 KATRINA SWEET:

11 Direct Examination by Mr. Robinson ----- 6693
12 Cross-Examination by Mr. Beaudikofer ----- 6697

13 (WITHIN THE PRESENCE OF THE JURY)

14 ROBERTO VICTA:

15 Direct Examination by Mr. Robinson ----- 6700
 Voir Dire Examination by Mr. Beaudikofer ----- 6712
16 Direct Examination (Resumed) by Mr. Robinson - 6716
 Direct Examination (Resumed) by Mr. Robinson - 6726
17 Cross-Examination by Mr. Beaudikofer ----- 6734
 Redirect Examination by Mr. Robinson ----- 6747

18

19 (OUTSIDE THE PRESENCE OF THE JURY)
 ROBERTO VICTA:

20

Voir Dire Examination by Mr. Beaudikofer ----- 6722

21

Voir Dire Examination by Mr. Robinson ----- 6723

22

ROBERT SWETICH:

23

Direct Examination by Mr. Robinson ----- 6749

24

25

--o0o--

6589

6593

1 THE COURT: Sometimes you can't avoid that little
2 opening headline. But as long as you don't read the text
3 of it, I think you're okay.

4 And the other thing that occurred to me is
5 that -- I sure wish I had the authority to march you all
6 over the health department and get you flu shots.

7 JUROR NO. 6: Are they open at noon? That's my
8 plan.

9 THE COURT: Good. I got mine Friday. They're
10 running out of vaccine. Apparently this is a really bad
11 strain of flu going on. And I don't want to lose any of
12 you folks. So I appreciate it if you did.

13 Now, let's see. Dr. Swalwell, could you come
14 on up, sir.

15 MR. BEAUDIKOFER: Your Honor, could we make a
16 further inquiry to see if anyone talked to them about the
17 contents of that article?

18 THE COURT: Did anybody talk to you about the
19 article or attempt to discuss the article with you?

20 (The jury panel answers collectively in

21 the negative.)

22 THE COURT: Okay. Thank you, ladies and gentlemen.

23 All right. Doctor, you're still under oath.

24 And apparently there was a line of questioning that

25 Mr. Robinson neglected or failed or didn't remember to

1 ask you about Friday. So I'm going to let him reopen on
2 that. Go ahead.

3 MR. ROBINSON: Thank you very much.

4

5 DIRECT EXAMINATION (RESUMED)

6 BY MR. ROBINSON:

7 Q. Just briefly, doctor.

8 Doctor, I would like to give you a

9 hypothetical. Let's assume that there is a weapon

10 approximately eight and a half inches in length, three

11 quarter inches in width at its widest point, and one inch

12 thick at its thickest point. Let's further assume that

13 this weapon was sharpened to a point on one end with a

14 white cloth wrapped around the other end for a handle,

15 and the handle measured approximately two inches in

16 length.

17 Based upon the injuries or the wounds or the

18 stab wounds that you referred to in your testimony on

19 Friday, would that weapon be consistent with the type of

20 weapon used to inflict those wounds?

21 A. Yes.

22 MR. ROBINSON: Thank you very much.

23 Nothing further, your Honor.

24 THE COURT: Okay. Thank you.

25 Mr. Beaudikofer.

1 CROSS-EXAMINATION (RESUMED)

2 BY MR. BEAUDIKOFER:

3 Q. Good morning, doctor.

4 To clarify, we spoke a little bit after the
5 close of testimony last week, correct?

6 A. Yes.

7 Q. And that was in the presence of at least part
8 of the time with Mr. Robinson.

9 A. Yes.

10 Q. Okay. And then we spoke a little bit of the
11 time, I guess you would say, outside of his presence or
12 in private, correct?

13 A. Yes.

14 Q. Did you have occasion, since our discussion, in
15 talking with Mr. Robinson about the contents of our
16 conversation?

17 A. No.

18 Q. What exactly is it that a forensic pathologist
19 do?

20 A. Well, we study the pathology of injuries. And
21 generally what we do is autopsy examinations on people
22 who die under various circumstances, as I mentioned
23 before. And part of that is documenting injuries to the
24 extent that we can and determining the cause of death.

25 Q. And in the process of making examinations and

1 evaluating injuries, do you occasionally make references
2 to other sources of information, for example, toxicology
3 reports, police reports?

4 A. Yes.

5 Q. Okay. And what is the purpose of making
6 reference to the other sources of information?

7 A. Part of what we do in determining the cause of
8 death is to consider all the information that is
9 available. And sometimes we need that information to be
10 able to tell why somebody died. For instance, if
11 somebody dies from a drug overdose, we obviously need to
12 do drug testing to determine that.

13 Q. Did you make references to any of the reports
14 in connection with your medical examination in this case?

15 A. The -- I'm trying to think. I remember seeing
16 the toxicology report. And the other thing I had, as I
17 mentioned before, was the autopsy memo from the coroner's
18 office, which is just a very brief statement.

19 Q. Do you know what a criminalist is?

20 A. Yes.

21 Q. Do you know what a crime scene technician is?

22 A. Yes.

23 Q. Do you know what a serologist is?

24 A. Yes.

25 Q. Do you know what a blood splatter expert is?

6597

1 A. Yes.

2 Q. What is a criminalist?

3 A. A criminalist is usually someone that -- well,
4 someone that has special training in criminology. And in
5 particular, they're interested in things related to crime
6 scene investigation, evidence collection, and analysis.

7 Q. And in the case of a homicide involving a sharp
8 instrument, which was apparent in this case, is it common
9 for -- for them to -- for a criminalist to preserve blood
10 stain evidence if it's available?

11 MR. ROBINSON: Objection, your Honor, beyond the
12 scope of direct examination.

13 THE COURT: Well, it is. All right. Sustained.

14 MR. BEAUDIKOFER: So are you going to make us call
15 him back some other day?

16 THE COURT: I'm going to have to follow the rules.
17 I'm going to turn square corners every chance I get in
18 this case. So if that's what it's going to take, that's
19 what it's going to take.

20 BY MR. BEAUDIKOFER:

21 Q. Did you refer to any criminalists' reports in

22 coming to your conclusions?

23 A. No.

24 Q. Did you refer to any serologist's report? What

25 is a serologist?

1 A. A serologist is someone that works in a
2 laboratory dealing with serology, which is an evaluation
3 of certain findings in blood.

4 Q. Okay. For example?

5 A. Well, for example, they may be doing D.N.A.
6 testing or testing of blood types or looking for evidence
7 of sex assault like semen.

8 Q. Did you see any reports concerning that kind of
9 examination?

10 A. No.

11 Q. What is a blood stain specialist?

12 MR. ROBINSON: Again, your Honor, objection, beyond
13 the scope of direct examination.

14 MR. BEAUDIKOFER: He's referred to his report and
15 common habits of the way he works with people. I want to
16 ask him if he did so in this case. And I want to start
17 by defining what they are so we'll know what he's talking
18 about.

19 THE COURT: Your objection stands?

20 MR. ROBINSON: Yes, your Honor.

21 THE COURT: I believe it is. I'll sustain the

22 objection.

23 BY MR. BEAUDIKOFER:

24 Q. Are you familiar with the term "agonal period"?

25 A. Yes.

1 Q. What is that referred to?

2 And how is it spelled, just for the record?

3 A. A-g-o-n-a-l.

4 It refers to the period or the time during

5 which somebody is dying or the time around when the death

6 occurs.

7 Q. So basically it's the time it takes to die from

8 the onset of the injuries?

9 A. It -- it would include that, yes.

10 Q. What else would it include?

11 A. Well, I mean, that's assuming that there are

12 injuries. And not everybody dies from injuries.

13 Q. All right. As it would apply in this case.

14 A. Right. I mean, obviously sometimes there is a

15 big delay between the time of injury and when someone

16 dies.

17 Q. But we're talking about in the case of

18 traumatic injuries, which is the presumed cause of death.

19 We're talking about the time from the receipt of the

20 injuries to the time the person dies. That would be

21 called the agonal period.

22 A. It would be if it is a short period of time.

23 Q. Okay. Can you define short for us in terms of

24 your understanding?

25 A. Minutes.

6600

1 Q. Minutes?

2 A. Or less.

3 Q. So on the outside, how many minutes are we
4 talking about? I'm talking about general now.

5 A. There is no -- I mean, there is no defined
6 limit.

7 Q. So when you said you didn't use hours, you
8 didn't use days.

9 A. Right.

10 Q. So I assume there is some parameters. Again,
11 I'm not being case specific.

12 A. I don't have any set time frame where I would
13 say this is beyond what that is.

14 Q. But your particular use -- is there a generally
15 accepted term for use of agonal period?

16 A. Not in terms of numbers, no.

17 Q. So when you say it was minutes, it could be
18 longer?

19 A. Well, it could be many minutes, sure.

20 Q. All right. For example, someone gets poisoned.

21 From the onset of poison to death could be several hours?

22 A. Could be, sure.

23 Q. And that would be referring to the agonal

24 period?

25 A. It could be.

6601

1 Q. Why wouldn't it be?

2 A. Well, obviously, like I said, at some point,
3 you know, if you get brain damage and you die ten years
4 later, you wouldn't consider that ten-year period an
5 agonal period. Agonal just mean the time shortly around
6 the time of death or close to the time of death.

7 Q. And it could be minutes or many minutes?

8 A. Yeah.

9 Q. And does the agonal period -- in your
10 determination, does that provide information as to what
11 the decedent was able to do before his death and have
12 importance on that issue?

13 A. It could.

14 Q. For example, a person had three minutes from
15 the onset of injuries to his death, he couldn't write a
16 book presumably, correct?

17 A. Right.

18 Q. So there would be limitations on the amount of
19 activity, correct?

20 A. Right.

21 Q. Isn't it true that people rarely drop dead

22 immediately from traumatic injury?

23 A. Well, it really depends on the nature of the

24 injury.

25 Q. Isn't that "drop dead" immediately usually

6602

1 confined to devastating central nervous system injuries
2 or deep brain injuries?

3 A. Yes.

4 Q. In fact, isn't it true that there is well
5 documented cases in the forensic literature of people
6 actually be shot in the heart across the room and done
7 significant activity?

8 A. Yeah.

9 Q. And even people with seemingly major brain
10 injuries may be able to speak out.

11 A. Yes.

12 Q. And do other voluntary activity for a
13 considerable period of time.

14 A. Yes.

15 Q. Isn't it true that it's difficult to be sure,
16 judging from the body alone -- an examination of the body
17 alone, how long the agonal period may have been and what
18 type of activity a person might be able to do during that
19 period?

20 A. Yes.

21 Q. And isn't it true that anatomic abnormality or
22 injuries don't always correlate with the degree or type
23 of impairment the person might suffer?

24 A. Yes.

25 Q. Physical factors about a person's agonal

6603

1 activity, such as location of the injury and the amount
2 of tissue damage, plays a significant role on what the
3 activity might be.

4 A. It can. Certainly.

5 Q. And then there is psychological factors that
6 also come into play, correct?

7 A. Yes.

8 Q. And they can be unpredictable.

9 A. Yes.

10 Q. And so I think we agree that not many injuries
11 occur where incapacitation is immediate except spinal
12 cord and deep brain injuries.

13 A. Right. And the other thing would be extensive
14 multiple injuries like in some motor vehicle accidents
15 where it's devastating injuries.

16 Q. And just so we have that in balance, what are
17 we -- what kind of injuries are we talking? There are
18 lots of vehicle accidents where that doesn't occur,
19 correct?

20 A. Yeah. I'm talking about severe cases where

21 obviously people die right away.

22 Q. For example, what kind of injuries are we

23 talking about?

24 A. Multiple fractures, multiple injuries to

25 internal organs.

6604

1 Q. The determination of when a person can function
2 during the agonal or the extended -- could function
3 during the agonal period might be difficult to address
4 because of the variability among victims and the
5 psychological and physiological makeup?

6 A. Yes.

7 Q. Not all people are typical in the way their
8 muscles and nerves are assembled.

9 A. True.

10 Q. So categorical statements about anatomic
11 functional relationships are sometimes difficult to make
12 and could be misleading in terms of what the activity
13 might occur during an agonal period.

14 A. Yes.

15 Q. Sometimes people have what they call backup
16 mechanism. For example, if a part is injured, there may
17 be some other way to accomplish an activity, even though
18 the normal functioning system has been damaged?

19 A. Yes.

20 Q. And isn't it true that people with stab wounds
21 through the heart have run for blocks before collapsing?

22 A. That can happen, yes.

23 Q. I don't know if I said that, but people can
24 awaken from a seemingly irreversible coma?

25 A. Yes.

6605

1 Q. Another example would be that people shot
2 through the frontal lobes of the brain almost immediately
3 become unconscious, correct? Most people --

4 A. Yes.

5 Q. But a few do not.

6 A. Yes.

7 Q. And isn't it true that one factor that limits
8 the ability of forensic pathologists to determine the
9 parameters of a voluntary victim activity -- in other
10 words, what a person in the agonal period can or cannot
11 do -- is somewhat limited by the assent of dissection
12 entailed in the normal autopsy?

13 A. It can be.

14 Q. Now, did you have an estimate of the agonal
15 period in this particular case, the one involving Inmate
16 Richmond?

17 A. Only a ballpark figure. Obviously, I don't
18 know for sure.

19 Q. What was your ballpark figure?

20 A. I would say it would be several minutes.

21 Q. You conducted an autopsy of Mr. Richmond,

22 correct?

23 A. Yes.

24 Q. Do you have that in front of you?

25 A. Yes.

6606

1 Q. The protocol you used or the series of steps
2 you used, could you just give us a quick outline of what
3 that would have been?

4 A. In terms of -- I'm not quite sure I understand.

5 Q. What you did first and so on.

6 A. During the course of the autopsy?

7 Q. Yes.

8 A. Well --

9 Q. I'm talking about in general terms. For
10 example, external examination and then go through the
11 organs.

12 A. Right, right.

13 Generally it starts with what we call the
14 external examination, which is the looking at the body
15 from the outside, basically looking at the general
16 features and items that are on the body and, of course,
17 the injuries that are apparent from the outside.

18 And the second part is the internal examination
19 where we look at the inside of the body, go through the

20 same thing with the individual organs and the body

21 cavities and looking at -- for diseases and also for

22 injuries.

23 Q. In your report, you gave an external

24 description of Mr. Richmond, correct?

25 A. Yes.

6607

1 Q. And your determination of him was that he was
2 well developed, thin, but muscular, nonembalmed
3 Caucasian, correct?

4 A. Yes.

5 Q. What does that mean?

6 A. Which part?

7 Q. It's on Page 3 of the report under first
8 sentence under external description.

9 A. I'm sorry. I didn't quite understand what
10 you're asking.

11 Q. You describe him as a well developed, thin, but
12 muscular, nonembalmed Caucasian. What does well
13 developed, thin, but muscular mean to you?

14 A. Well developed means, in terms of his general
15 physique, normal development. He wasn't handicapped in
16 the physical sense that there is something wrong with his
17 arms or legs that is apparent by looking at him, normal
18 body features, and that kind of thing.

19 Thin, but muscular obviously to some extent is

20 subjective. But even though he looked thin, he did seem

21 to have well developed musculature.

22 Q. You stated that rigor was well developed,

23 correct?

24 A. Yes.

25 Q. What does that mean?

6608

1 A. That means that at the time of the autopsy, the
2 rigor mortis was quite firm, probably optimal.

3 Q. Optimal meaning the maximum it could get?

4 A. Right.

5 Q. And that was around 10:46 the 9th, if I recall?

6 A. Well, the autopsy started at 10:52 in the
7 morning. So it would have been some time shortly
8 thereafter.

9 Q. The -- did you examine him to see if he had
10 tattoos on him?

11 A. Yes.

12 Q. What was the purpose of that?

13 A. Well, it's part of the general description of
14 the body. As I mentioned, one of the things we do is to
15 document what is there and not there.

16 Q. And could you tell us, did you discover any
17 tattoos on his body?

18 A. Yes, yes. Several tattoos.

19 Q. What were they?

20 A. On the -- on the left upper arm was a tattoo
21 that included a couple of skulls. That's the tattoo that
22 you could see in some of the photographs because there is
23 one of the stab wounds that was in that area. He had
24 small tattoos on the back of the left hand including a
25 couple of lightning bolts.

6609

1 Q. Could you show us where that would be on your
2 own hand?

3 A. It would be somewhere around here in this area.

4 Q. Pointing on -- why don't you tell --

5 A. Well, what I call the web, which is kind of the
6 area between the thumb and the index finger.

7 There is also some letters tattooed on the left
8 forearm.

9 Q. And what were those?

10 A. I don't know what letters they were. I
11 couldn't read them. There were the letters "F," "E" on
12 his left thigh.

13 Q. "F," "E"?

14 A. And there is another cut, too, on the right
15 upper forearm near the crook of the arm.

16 Q. That was a letter tattoo?

17 A. Yes. I don't know what letter it was.

18 Q. Okay. And then you -- do you know what the
19 significance of the skulls would be?

20 MR. ROBINSON: Objection, your Honor, beyond the
21 scope of direct examination. And I don't see the
22 relevance of it as well.

23 MR. BEAUDIKOFER: Your Honor, he did make an
24 examination of the body. He did note that down in the
25 report that he referred to. I would be ask that I can

1 be --

2 THE COURT: I'll sustain the objection.

3 I also don't think that there is a foundation

4 that a medical doctor would know what a tattoo signifies.

5 MR. BEAUDIKOFER: That may be true. That's why I

6 ask him.

7 THE COURT: But anyway --

8 BY MR. BEAUDIKOFER:

9 Q. Are they important -- let me just go on.

10 This apparently was important enough to put in

11 your report. And what was the purpose of that?

12 A. Well, as I mentioned, we document what is on

13 the body. Even if we're not interpreting it, I'm just

14 documenting what is there. It can be helpful sometimes

15 like in an identification. If someone is not identified

16 or someone wants to confirm the identity, tattoos is one

17 way that could be done.

18 Q. Okay. Just -- you said that there was a couple

19 of small lightning bolts tattooed on the left dorsal web;

20 is that correct?

21 MR. ROBINSON: Same objection.

22 THE COURT: What's that?

23 MR. ROBINSON: Same objection.

24 THE COURT: He's already testified to that.

25 MR. ROBINSON: It's still not relevant.

6611

1 MR. BEAUDIKOFER: I'm going over his report. I

2 don't think I'm limited to --

3 THE COURT: I'll overrule the relevance because he

4 already testified to it. There is no sense sustaining an

5 objection at this point.

6 BY MR. BEAUDIKOFER:

7 Q. All right. That was -- when you say a couple,

8 just two?

9 A. Yes.

10 Q. Now, you made an -- oh, you also noticed that

11 there was a -- well, in the next sentence down, you

12 noticed that there was a scar on the right forearm.

13 A. Yes.

14 Q. Could you describe that?

15 A. On the -- on the front side of the right

16 forearm was a superficial scar that was an inch in

17 length. I don't remember exactly the details of it.

18 Q. Okay. But it was apparently not related to

19 this incident?

20 A. No. It was a scar or something. That was all.

21 Q. Could it have been a stab wound?

22 A. It could have been at one time.

23 MR. BEAUDIKOFER: I don't know how we're going to do

24 this, but I'm going to ask the doctor just to -- where

25 I'm going -- he said there were the stab wounds. But

6612

1 there were other injuries that were noted. I'm going to
2 ask him to put them on the chart so we have an idea of
3 what was involved. Let's see if I can -- I really don't
4 want to stand behind it like Mr. Robinson did.

5 Q. Was there evidence of external injuries apart
6 from the stab wound that you described on direct
7 examination?

8 A. Yes. There were a few -- as I mentioned
9 before, some abrasions and some small cuts.

10 Q. Did you catalogue those?

11 A. Yes. I described them in my report.

12 Q. All right. So we can have a balanced view,
13 I've got a red pen for the external injuries. And if you
14 could -- we can go one by one. And if you can -- I don't
15 know if you comfortably can do this. But describe where
16 they were and then place them on the diagram.

17 A. Okay. Well --

18 Q. I'll do it one by one, if that will help.

19 Did you notice that on the right side of the

20 upper nose, there was an one eighth inch superficial red

21 abrasion?

22 A. Yes.

23 Q. Okay. Could you put that on the chart?

24 A. Actually, I already marked a lot of these

25 injuries on the chart. Do you want me to mark over it?

6613

1 Q. I think what you put on those was the stab

2 wounds, correct?

3 A. No. I also put on the other injuries, some of

4 the abrasions and cuts.

5 Q. All right. Well, let's just -- if it's

6 duplicative, I'll indicate where they are. I don't think

7 there is anything indicating there is an abrasion on the

8 nose.

9 First of all, what is an abrasion?

10 A. An abrasion is a scrape of the skin where the

11 superficial layers of the skin gets scraped off.

12 Q. What does superficial mean?

13 A. It means it's not deep.

14 Q. Okay. Is that the general use of superficial?

15 Depth?

16 A. When I use it, yes. Yes, it's referring to the

17 depth.

18 Q. All right. When you use it for sure.

19 A. (Shakes head).

20 Q. All right. Could you show us where that

21 abrasion was?

22 A. Okay. I marked it here in blue. I'm going to

23 make it a little bigger, just so you can tell where it

24 is.

25 Q. I can't see it.

6614

1 A. Yeah. I know you got to be close to see. It's
2 just on the side of the nose, on the right side, a
3 little -- it actually only measured an eighth of an inch
4 right here.

5 Q. Could we make -- draw a little line to it and
6 maybe make the letter "A" since that was not numbered?
7 And we'll call it "S," "A" for superficial abrasion.

8 A. Okay.

9 MR. ROBINSON: Maybe the size, too, counsel.

10 BY MR. BEAUDIKOFER:

11 Q. That would be great. One eighth of an inch.

12 A. Okay.

13 Q. And the lateral left cheek near the angle of
14 the jaw has a three sixteenth superficial stab wound
15 which has a diagonal orientation and is about one quarter
16 inch in depth.

17 Did you write that?

18 A. Yes. And that refers to this wound here. And
19 if you like, I can label that.

20 Q. "B," mark it. Just pull it out.

21 A. (Indicating).

22 Q. And that would be superficial stab wound?

23 A. Yes. I did count that as one of the stab

24 wounds, even though it was only three sixteenths of an

25 inch in width. It was a quarter inch in depth. And by

1 definition, a stab wound is something deeper than it is
2 wide. So even though it's small, technically it is a
3 stab wound.

4 Q. Do you have an opinion of whether or not this
5 instrument that was described by Mr. Robinson caused that
6 particular injury?

7 A. It certainly is consistent with that.

8 Q. Okay. Now, consistent, what does that mean?

9 A. That means it could have been caused by that.

10 Q. Okay. But there are other reasonable
11 possibilities?

12 A. Well, the thing with stab wounds is that
13 they're unlike trying to match a bullet to a gun. You
14 can't match up a stab wound to a particular weapon. All
15 you can say is consistent based on the dimensions and the
16 size. But obviously, there could be lots of kinds of
17 instruments, knives that look very similar and could
18 cause wounds that would appear very similar.

19 Q. So when you say -- and I've been doing a little

20 research over the weekend. When you say something is

21 consistent with or diagnostic of -- consistent just means

22 it's one of the reasonable possibilities, correct?

23 A. Right. I can't say that -- you know, that it's

24 a match.

25 Q. But that wound could have been caused by other

6616

1 activities beside the stab wound -- the instrument that

2 Mr. -- that counsel was apparently referring to.

3 A. Yes. It could have been caused by a different

4 instrument.

5 Q. The reason I say it, there is some evidence

6 that when the body came to rest, that his mouth was kind

7 of leaning to the left on the edge. Is it possible

8 that -- that something from the nature of that desk could

9 have caused that particular wound?

10 A. I couldn't say without seeing the desk. It

11 would have to be something sharp that was sticking out.

12 Q. So superficial means it's shallow, correct?

13 A. Right.

14 Q. So can you put "S," "W" as superficial?

15 A. Sure.

16 MR. ROBINSON: Maybe the width and the depth of the

17 wound would be nice.

18 MR. BEAUDIKOFER: Yeah. That's great.

19 Q. Three sixteenths, quarter inch in depth.

20 A. Okay.

21 Q. The left mid back has a very -- "very" is the

22 word -- superficial red-tan one by one half inch scrape

23 abrasion.

24 A. Yes. That refers to this injury here.

25 Q. That would be "C."

6617

1 A. (Indicating).

2 Q. The dimensions are --

3 A. One by one half inch.

4 Q. One by a half inch?

5 A. Okay.

6 Q. And we'll call it "S," "S," "A," superficial

7 scrape abrasion.

8 A. Okay.

9 Q. What does that mean? Scrape abrasion?

10 A. Well, it means it's an abrasion caused by

11 friction that is going across the skin as opposed to

12 something that might be a more direct contact.

13 Q. Across the skin. Does the skin have a grain?

14 A. Not really.

15 Q. Okay. So it's a scrape would be the easiest

16 way to describe it.

17 A. Yes.

18 Q. The left lower back has a one quarter inch by

19 sixteenth inch superficial red-tan abrasion?

20 A. Yes. That's referring to this injury here.

21 THE COURT: Just so everybody is aware, there is

22 some students that are going to come in and view part of

23 trial. So if you see a lot of youngsters come in, that's

24 what that is about.

25 MR. ROBINSON: Thank you.

1 BY MR. BEAUDIKOFER:

2 Q. All right. And that would be "D"?

3 A. Okay.

4 Q. And the dimensions are a quarter by sixteenth

5 inch.

6 A. (Indicating). Okay.

7 Q. I don't know if we already included this or

8 not. It may have. But the lateral to the left elbow is

9 an irregular one by half inch, red abrasion.

10 A. That's this one here.

11 Q. I want to distinguish these other injuries from

12 stab wounds. So if we can put -- did we get the

13 dimensions already?

14 A. Not yet.

15 Q. Okay. One and a half by three eighths. No.

16 Strike that. That is incorrect.

17 It's an irregular one by half inch red

18 abrasion.

19 A. Okay. Do you want to label this "E"?

20 Q. Yes, please.

21 A. Okay.

22 Q. And within that area was a superficial three

23 eighths inch cut, correct?

24 A. Yes.

25 Q. Could you tell us what a cut is as opposed to

6619

1 an abrasion?

2 A. A cut is a break in the skin that is made by a
3 sharp object. So it's usually a thin -- you know, like
4 thin line.

5 Q. All right. So we can that have as "F" since
6 that, you've detailed as separately.

7 A. Okay.

8 Q. On the left elbow slightly medially is a
9 quarter inch red abrasion. Could you mark that and tell
10 us what it is?

11 A. Okay. That would be "G." It's an abrasion,
12 another scrape. That was on the elbow separate from the
13 other one that we just talked about.

14 Q. On the antero-lateral left upper arm is a very
15 thin horizontal one quarter inch linear scrape, which is
16 appears to be scabbed and older than the other injuries.

17 A. Yes.

18 Q. All right. That would be what "F" or "G," I
19 guess.

20 A. That would be "H."

21 Q. H. Do you think that should be put there?

22 Does that appear to have been sustained at some other

23 time than the time of the --

24 A. Right. That's an older healing injury. So it

25 wouldn't have been related to any of these other ones.

6620

1 Q. So we'll leave it off then, right?

2 A. Okay.

3 Q. The dorsal left thumb extending from the joint

4 to the lateral edge of the nail has an almost vertical

5 three quarter inch superficial linear cut.

6 A. Yes. That's the one here that we've labeled as

7 a potential -- potentially defensive wound.

8 Q. Now, I heard you use -- could we -- what -- we

9 have "A," "B," "C," "D," "E," "F," and "G." What would

10 be the next in order?

11 A. "H."

12 Q. "H"?

13 A. (Indicating).

14 Q. And then you indicate that the palmar surface

15 of the right hand near the web has an ovoid three

16 sixteenth by one eighth inch red denudation.

17 A. Yes.

18 Q. Could you show -- indicate where that would be?

19 A. That's the one over here on the right. I'll

20 label it "I."

21 Q. I think that's it for the external. Those are

22 the external injuries that you noticed apart from the

23 stab wounds, correct?

24 A. Yes.

25 Q. And you use the word "consistent" with -- as

1 being a reasonable possibility, correct, in connection

2 with the conclusion?

3 A. Yes.

4 Q. All right. But it doesn't necessarily exclude

5 other possibilities that are reasonable.

6 A. That's correct.

7 Q. Defense 502 I'm going to hand you and ask you

8 if you can identify that.

9 A. Yes. This appears to be a photograph of his

10 left hand taken at or around the time of the autopsy.

11 Q. And that would be where on your chart?

12 A. Well, the left hand over here. It's a kind of

13 a -- it's kind of a side view of the hand. So you see

14 the thumb and the index finger. It does show that cut

15 that is on the -- on the thumb. And it also shows some

16 of the tattoo -- tattoos.

17 Q. So that's one that's potentially a defensive

18 wound?

19 A. Yes.

20 Q. It would be consistent with the defensive

21 wound?

22 A. Yes.

23 Q. Is it a fair assumption in your opinion that

24 those --

25 I'm sorry the people can't see them more

6622

1 easily. Maybe we can hold them up.

2 -- that the abrasions and the superficial

3 injuries that you've described, in addition to the stab

4 wound, are consistent with a fact that a struggle might

5 have occurred during the agonal period?

6 A. Yes.

7 Q. So it would be consistent with the findings,

8 say, that death -- with your diagnosis, cause of death,

9 that there was actually a physical struggle before

10 Mr. Richmond was incapacitated.

11 A. It's consistent with that, yes.

12 Q. I'm going to go through your report now

13 concerning the stab wounds.

14 You used the word that there were six stab

15 wounds that were potentially fatal, correct?

16 A. Yes.

17 Q. When you say potentially fatal, what are --

18 what does that mean as opposed to being fatal?

19 A. Well, if they were fatal, it would mean that

20 that one wound killed you. Potentially fatal means --
21 well, in this case, since there were multiple wounds,
22 it's not one single wound that resulted in the death.
23 It's the sum of the wounds. But there is six of those
24 that potentially could have killed him by themselves.
25 And that's what I mean by that.

6623

1 Q. In connection with the potentially fatal,
2 counsel asked you if there was evidence of overkill. And
3 you said there was a -- you could say there was an
4 element of overkill.

5 A. Yes.

6 Q. Now, overkill -- I've got my medical
7 dictionary. It's an old one. But I couldn't find it in
8 the dictionary. Does that surprise you?

9 A. No.

10 Q. Why is that?

11 A. Because it's -- it's not a medical term.

12 Q. Now, you define overkill as any wound in excess
13 of what would be necessary to cause death, correct?

14 A. Yes.

15 Q. All right. Let me give you an example. Assume
16 an officer is trained to fear for his safety when he's
17 making felony arrests. Okay?

18 A. Okay.

19 Q. And he approaches a felony stopping a vehicle.

20 Okay?

21 A. Okay.

22 Q. He sees the suspect reach down apparently

23 underneath his seat. And the suspect, bolting out of the

24 vehicle, charges at him with a knife in hand.

25 His reaction is to pull his weapon and to fire

6624

1 five times. One perforates the stomach, and another the
2 liver, the heart, the lungs, and the brain. Five shots,
3 rapid fire.

4 Under your definition -- well, isn't it true
5 that each of the wounds I've described are potentially
6 enough to kill a person?

7 A. Yes.

8 Q. So can we say because there are four wounds in
9 excess of what was necessary to kill him, that this is a
10 case of overkill?

11 A. From a pathologist's standpoint, I would say
12 that.

13 Q. Well, these words end up being bandied around
14 the courtroom like they have some big significance. And
15 the fact is isn't overkill, as opposed to a medical term,
16 more of a psychological term?

17 A. Yes.

18 Q. And doesn't it in its typical sense refer to
19 events where the number of death blows far and extremely

20 exceed what is necessary to cause death?

21 MR. ROBINSON: Objection, your Honor, vague.

22 THE COURT: I'll sustain that. Could you rephrase

23 that?

24 MR. BEAUDIKOFER: Yes, I can.

25 Q. Let me give you another example.

1 Let's say there are reports from the I.V. Press
2 on an incident that occurred in Imperial County Jail are
3 true. An inmate is found in his cell on his back with
4 multiple stab wounds. In fact, there is about 140 of
5 them, and 114 of which are within a four-inch square of
6 his heart. Would that be evidence of overkill?

7 MR. ROBINSON: Objection, your Honor. It's not
8 relevant. And it's a based on a hypothetical that has no
9 foundation. So those are my objections.

10 THE COURT: All right. Well, I think you opened
11 this overkill issue up. So I'll allow that.

12 MR. ROBINSON: But can't we have a hypothetical
13 based upon the facts in this case as opposed to some case
14 that is not even before the Court?

15 THE COURT: That's always preferable. But if he
16 wants to analogize things, I think he can do that.

17 BY MR. BEAUDIKOFER:

18 Q. Did you do the autopsy on that alleged -- on
19 the inmate that that happened to?

20 A. No.

21 Q. Would that be evidence of overkill?

22 A. I would say so.

23 Q. In fact, that would be evidence that there was

24 an extreme amount of excessive blows necessary to cause

25 death, correct?

6626

1 A. Yes.

2 Q. And overkill, isn't that a term -- a

3 psychological term that usually refers to a rage that is

4 associated with a homicide?

5 A. I don't know. When I used the term, I used it

6 in a pathologic sense from the standpoint of

7 pathologists.

8 Q. But you're not surprised it's not in the

9 dictionary.

10 A. No.

11 Q. Isn't it a fact that overkill needs to be

12 tempered with an examination of the situation to

13 determine whether or not the use of amount of blows or

14 death blows is excessive?

15 A. Well, I think that you need to define what you

16 mean by overkill because it may mean something different

17 to me than it does to you than it does to anybody else in

18 this courtroom.

19 And when I use the term, I was using it looking

20 at it as a pathologist and looking strictly at the
21 injuries and not looking at the scene. I'm not looking
22 at the psychological profile and so on.
23 Q. Were you -- I can't remember. Were you
24 given -- were you made reference to one of the exhibits
25 showing the cell, a representation of the cell in this

6627

1 case? Do you remember that?

2 A. No.

3 Q. Well, let me do that.

4 MR. ROBINSON: Your Honor, now I do believe we're

5 going beyond the direct examination of my questioning of

6 this witness.

7 MR. BEAUDIKOFER: We're going to use hypotheticals.

8 THE COURT: Well, wait a minute. You have to stay

9 within the bounds of the direct. So how does that relate

10 to the direct testimony?

11 MR. BEAUDIKOFER: Because I want to talk about

12 overkill in the situation where the person to whom it

13 applies as being described is in a very small confined

14 space.

15 THE COURT: Well, I'm worried we're getting beyond

16 the expertise of this witness. I don't know that this is

17 something that he would have training in.

18 But if you do, then that's fine.

19 I think you'll need to lay a foundation first.

20 MR. BEAUDIKOFER: He's used the word and I want to

21 explore it and all of its ramifications.

22 THE COURT: You might need another type of expert is

23 all I'm telling you.

24 MR. BEAUDIKOFER: He brought it up.

25 THE COURT: I know.

1 MR. BEAUDIKOFER: If it's going to be bandied around
2 by the prosecution, I have --

3 THE COURT: We've got testimony it's not a medical
4 term. If you want to talk about this situation, you need
5 to lay a foundation.

6 BY MR. BEAUDIKOFER:

7 Q. Don't statements of overkill have to be
8 tempered by the situation in which the blows were
9 administered, for example, whether it was a confined
10 space or not?

11 A. Well, like I said, you have to define it first
12 before you can talk about it. And I've tried to define
13 it in my terms. And in my terms, the answer is no.

14 Q. So in your terms, any blow in excess of what
15 would be necessary to kill someone would be overkill.

16 A. It could be, yes.

17 Q. You were asked some questions on whether or not
18 the decedent experienced pain, correct?

19 A. Yes.

20 Q. Were you making medically definitive statements
21 about the amount of pain that Mr. Richmond presumably
22 suffered during the agonal period?

23 A. I didn't -- I don't remember saying anything
24 about how much pain.

25 Q. What exactly is pain? I mean, we've all

6629

1 experienced it. But medically, when you use the word.

2 A. Well, it's -- it's an unpleasant sensation that

3 is carried by the nervous system to the brain.

4 Q. An unpleasant sensation.

5 When you use the word "pain," were you making

6 any statements as to the severity of pain that

7 Mr. Richmond presumably had?

8 A. No.

9 Q. It could have been severe?

10 A. Yes.

11 Q. It could have been mild?

12 A. Yes.

13 Q. Pains are -- the experience of pain is a result

14 of a combination of factors, correct?

15 A. Yes.

16 Q. Some of them are physical.

17 A. Yes.

18 Q. For example, the number of nerve endings and

19 the location of the wounds, correct?

20 A. Yes.

21 Q. Are organs a -- typically densely populated

22 with nerves or sparsely?

23 A. It's variable.

24 Q. Pain is sometimes described as acute or

25 diffuse, correct?

6630

1 A. Yes.

2 Q. What other descriptions are there for pain?

3 A. Well, there is a lot of terms that are used

4 you. Can have sharp pain, dull pain, steady pain,

5 throbbing pain.

6 Q. Can you tell from an examination of

7 Mr. Richmond's body what kind of, if any, pain he

8 suffered?

9 A. No.

10 Q. But in order to experience pain, there has to

11 be some consciousness, correct?

12 A. At least to be aware of the pain, there has to

13 be.

14 Q. When I say experience it, I guess I mean aware

15 of it.

16 A. I mean, unconscious people can react to pain.

17 Q. And that requires that the cerebral -- both

18 hemispheres of the cerebrum be intact, correct?

19 A. I'm not sure -- I'm not sure about that.

20 Q. Spinal cord be intact of pathways to the brain.

21 A. Yes.

22 Q. And psychological factors might include a

23 person's experience with pain previously.

24 A. Yes.

25 Q. The anticipation of pain.

6631

1 A. Yes.

2 Q. Whether the person was in fear or enraged.

3 A. Yes.

4 Q. And in fact, when people are involved in

5 traumatic situations or receiving traumatic injury,

6 endorphins may be released by the brain, correct?

7 A. Yes.

8 Q. What are endorphins?

9 A. They are naturally occurring substances that

10 are -- react to the nerve endings. And they act like a

11 drug to block the sensations.

12 Q. An example -- what would be a common example to

13 help us understand what endorphins do?

14 A. Well, it could be a situation where you're like

15 an athlete who is exerting himself to an extreme degree,

16 but doesn't feel pain from that because of this action.

17 Q. And that is referred to as analgesic effect.

18 A. Right. Which is a dulling of pain.

19 Q. It could even be a high in some instances.

20 A. Yes.

21 Q. Is there any way by making an autopsy and a
22 physical examination of that body to detect whether
23 endorphins were released into Mr. Richmond's body during
24 that time in the agonal period?

25 A. No.

6632

1 Q. Is there any way to tell by just looking at --

2 well, first of all, let me strike that.

3 Fear and rage, they're controlled by the

4 hypothalamus in the limbic system, correct?

5 A. That's beyond my area of expertise.

6 Q. Are you familiar with the word "epinephrine"?

7 A. Yes.

8 Q. What's that?

9 A. It's a naturally occurring hormone that is --

10 Q. That what?

11 A. Well, it's also known as adrenaline.

12 Q. Okay.

13 A. And it's produced like when the body is under

14 stress.

15 Q. Fight or flight reaction, for example?

16 A. Yes.

17 Q. Is there any reliable post mortem measurement

18 of the epinephrine in the individual system?

19 A. No.

20 Q. So there is no really way -- reliable way to
21 look at a body and to determine -- to make an assessment
22 of the effects epinephrine might have had during the
23 agonal period, correct?

24 A. Correct.

25 Q. Isn't it fair to say that looking at a body

6633

1 alone, that it is very difficult, if not impossible, for
2 the forensic pathologist to determine from an autopsy
3 whether pain occurred, its type, or its severity?

4 A. Well, I think that when you look at injuries,
5 you can say that injury would have hurt. Now whether
6 that pain was perceived and how it was perceived, I
7 wouldn't be able to say.

8 Q. Would it be a safer statement to say that the
9 particular injury is likely to have been accompanied by
10 discomfort?

11 A. As opposed to pain?

12 Q. Just from looking at the body.

13 A. Well, from looking at the stab wounds, to me,
14 they look painful.

15 Q. Right. We don't need to go through the whole
16 assessment, though, because that's a subjective
17 determination, correct?

18 A. Well, unless he's paralyzed, I don't see how he
19 would not have pain from the injuries.

20 Q. All right. But can you tell the severity?

21 A. No.

22 Q. Can you tell if it's diffuse?

23 A. No.

24 Q. Can you tell if it's acute?

25 A. Well, by definition it would be acute.

6634

1 Q. What can you tell about the pain except to say
2 that he probably had it?

3 A. That's about it.

4 Q. Right. Because it could be tempered by rage,
5 endorphins, epinephrine, adrenaline.

6 A. Right.

7 THE COURT: You know what? We're about ready to
8 take our morning break. And let's do that right now.

9 Don't discuss the case amongst yourselves or
10 with anybody else or form or express any opinions. Don't
11 do any independent research or investigation on the case.

12 Ladies and gentlemen, we'll take approximately
13 a 15-minute break.

14 But let's stay on the record. We've got
15 another media request here. Is somebody here from
16 K.S.W.T. T.V.?

17 MILROSE BOSCO: (Indicating).

18 THE COURT: You can step down, by the way, doctor,
19 if you care to.

20 THE COURT: You're requesting to photograph?

21 MILROSE BOSCO: Right. Camera inside the courtroom,

22 if possible.

23 THE COURT: Well, okay. One thing -- this request

24 was supposed to have been presented --

25 By the way, you can take your break, ladies and

6635

6773

1 STATE OF CALIFORNIA)

2) SS.

3 COUNTY OF IMPERIAL)

4

5

6 I, SHIELAH D. MORGAN, CSR Number 3763, a

7 duly licensed shorthand reporter in and for the State of

8 California, and official reporter in and for the County

9 of Imperial, do hereby certify:

10 That I reported in shorthand the

11 proceedings held in the foregoing cause on the 8th day of

12 December, 2003. That the proceedings were reported

13 stenographically by me and later transcribed by computer

14 under my direction. That the foregoing is a full, true

15 and correct transcription of the proceedings taken at

16 that time.

17 In witness whereof, I have subscribed my

18 name this 13th day of April, 2004.

19

20

21

22

SHIELAH D. MORGAN

23

C.S.R. Number 3763

24

25

1 State of California)

2) SS.

3 County of Imperial)

4

5

6 I, Linda Parks, certified shorthand

7 reporter,

8 registered professional reporter, official reporter,

9 County of Imperial, State of California, do hereby

10 certify:

11 That I reported in shorthand the testimony

12 and proceedings held in the foregoing cause on the 8th

13 day of December, 2003; that the testimony and proceedings

14 were reported stenographically by me and later

15 transcribed by computer under my direction; that the

16 foregoing is a true record of the testimony and

17 proceedings taken at that time.

18 In witness whereof, I have subscribed my

19 name this 13th day of April, 2004.

20

21

22

23

Linda Parks

24

C.S.R. No. 9625

25

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

6776